

1 STATE OF ILLINOIS )  
 ) SS.  
2 COUNTY OF M C H E N R Y )

3  
4 IN THE CIRCUIT COURT FOR THE TWENTY-SECOND  
JUDICIAL CIRCUIT, MCHENRY COUNTY, ILLINOIS

5

6

PAUL DULBERG, )

7 )

Plaintiff, )

8 )

VS. ) Case No.

9 ) 12 LA 178

DAVID GAGNON, Individually, )

10 and as Agent of CAROLINE )

McGUIRE and BILL McGUIRE, )

11 and CAROLINE McGUIRE and )

BILL McGUIRE, Individually, )

12 )

Defendants. )

13

14 The deposition of

15

APIWAT FORD, DO

16

November 20, 2013

17

18

Reported by:

19 Margaret Maggie Orton, CSR, RPR

VAHL REPORTING SERVICE, LTD

20 (847) 244-4117

11 N. Skokie Highway, Suite 301

21 Lake Bluff, Illinois 60044

and

22 53 W. Jackson Boulevard, Suite 656

23 The subpoenaed deposition of APIWAT

24 FORD, DO, taken before Margaret Maggie

Orton, CSR, RPR, on November 20, 2013, at  
the hour of 10:03 o'clock a.m., at  
4209 West Medical Center Drive, McHenry,  
Illinois.

APPEARANCES:

MR. HANS A. MAST, of the Law Offices of  
THOMAS J. POPOVICH  
3416 West Elm Street  
McHenry, Illinois 60050

appeared on behalf of plaintiff;

MR. PERRY A. ACCARDO, of the Law Offices of  
STEVEN A. LIHOSIT  
200 North LaSalle Street  
Chicago, Illinois 60601

appeared on behalf of defendant  
David A. Gagnon;

MR. RONALD A. BARCH, of the Law Offices of  
CICERO & FRANCE  
6323 East Riverside Boulevard  
Rockford, Illinois 61114

appeared on behalf of the Defendants  
Caroline McGuire and Bill McGuire.

I N D E X

PAGE

WITNESS: APIWAT FORD, DO

1 EXAMINATION

2 BY: MR. ACCARDO

4

3 EXAMINATION

4 BY: MR. MAST

28

5 EXAMINATION

6 BY: MR. BARCH

33

7

8

9

10

11

12

13

14

E X H I B I T S

15

16

17 NONE MARKED

18

19

20

21

22

23

24

1 (Witness sworn.)

2  
3 APIWAT FORD, DO,  
4 called as a witness, having been first duly  
5 sworn, was examined and testified as  
6 follows:

7  
8 EXAMINATION

9 BY: MR. ACCARDO

10  
11 Q. Now, Doctor, could you please  
12 state your name and spell it for the court  
13 reporter?

14 A. Yes, my first name is Apiwat,  
15 A P I W A T. Last name is Ford, F O R D.

16 MR. ACCARDO: Let the record  
17 reflect this is the discovery deposition of  
18 Dr. Apiwat Ford taken pursuant to subpoena,  
19 taken in accordance with the rules of the  
20 Circuit Court of McHenry County, the Rules  
21 of the Supreme Court of the State of  
22 Illinois, and any other applicable local  
23 court rules.

24 BY MR. ACCARDO:

*(Daw-IT-EE  
in June '11*

1 Q. Good morning, Dr. Ford. My  
2 name is Perry Accardo, and I'm going to be  
3 asking you some questions today about a  
4 patient that you saw in the emergency room  
5 back in June of 2011, okay?

6 A. Okay.

7 Q. All right. Have you given a  
8 deposition before?

9 A. Yes, I have.

10 Q. All right. And you're familiar  
11 with the ground rules governing depositions  
12 then?

13 A. Yes.

14 Q. All right. Great. You are a  
15 medical doctor; is that correct?

16 A. Correct.

17 Q. And you're licensed to practice  
18 medicine in Illinois?

19 A. Yes.

20 Q. What type of doctor are you?  
21 Do you have a specialty?

22 A. Yes, I'm emergency medicine  
23 doctor.

24 Q. Okay. And where are you

*Emergency  
Medicine*

1 currently employed?

2 A. At Centegra Hospitals.

3 Q. Okay.

4 A. Centegra Healthcare; they're  
5 two hospitals.

6 Q. All right. And back in --

7

8 (After a brief interruption,  
9 the deposition resumed as  
10 follows:)

11

12 BY MR. ACCARDO:

13 Q. And you said that there's --  
14 you said that there's two hospitals in the  
15 system?

16 A. Yes.

17 Q. And what are those two  
18 hospitals?

19 A. Centegra McHenry and Centegra  
20 Woodstock.

21 Q. All right. And today we're at  
22 Centegra --

23 A. McHenry.

24 Q. -- McHenry, right?

1 A. Yes.

2 Q. Okay. Now, back in June  
3 of 2011, you were employed for Cen- -- you  
4 were employed with Centegra?

5 A. Yes.

6 Q. Okay. And also as an emergency  
7 room doctor?

8 A. Correct.

9 Q. All right. Could you just sort  
10 of briefly describe to me what an emergency  
11 room doctor specializes -- what the  
12 specialty is comprised of?

13 A. Well, we work in the emergency  
14 department and take care of all sorts of  
15 patients that come through the ER.

16 Q. Okay.

17 A. You know, injury, fever, cough.  
18 I mean, it's like all encompassing, kind of  
19 like the jack-of-all-trade type of thing.

20 Q. I got you. Back in June --  
21 actually June 28th of 2011, you were  
22 working in the emergency room?

23 A. Yes.

24 Q. Okay. And which hospital was

1 that at? Was that at the McHenry location?

2 A. Is in McHenry location.

3 Q. Okay. And you had an occasion  
4 to see an individual who came into the  
5 emergency room by the name of Paul Dulberg;  
6 is that correct?

7 A. Yes.

8 Q. Do you have any independent  
9 recollection whatsoever of Mr. Dulberg or  
10 his injury?

11 A. I do not.

12 Q. Okay. That's fine. You do  
13 have your chart here today from the  
14 emergency room; is that correct?

15 A. Yes.

16 Q. And does that comprise your  
17 entire chart for the emergency room care  
18 that Mr. Dulberg received?

19 A. Yes.

20 Q. All right. Would it help you  
21 to -- when you're testifying to refer to  
22 your chart?

23 A. Yes.

24 Q. All right.

*no ind  
recollection  
of it*



1           A.       It will be a big help.

2           Q.       Please feel free to do that.

3   All right. So Mr. Dulberg came into the  
4   emergency room. Now, initially what is the  
5   procedure when one comes into the emergency  
6   room? Are they examined by a nurse  
7   initially, and at some point they see a  
8   doctor? How does that all work?

9           A.       Yes, usually when they come  
10   through the emergency department, they're  
11   first seen by the triage nurses out in the  
12   receiving area, and then the nurse go over  
13   the vital signs and the complaints and  
14   everything and then kind of set the  
15   criteria whether this is -- like how  
16   serious this situation is level. If it's  
17   like a -- They give a ranking number like  
18   to 5, if it's real critical, not as  
19   critical or, you know, that type of thing,  
20   and then the patient will be put on the  
21   computer and will come through the ED, you  
22   know, the -- by the priority of the  
23   severity of the illnesses.

24          Q.       Okay. And that initial

1 assessment is made by the triage nurse?

2 A. Triage nurses, yes.

3 Q. All right. Now, in looking  
4 at -- Well, actually let me ask you this:  
5 When the triage nurse does the initial  
6 examination and I guess, for lack of a  
7 better term, intake, do they make their own  
8 notes and fill out their own part of the  
9 chart?

10 A. They do, yes.

11 Q. All right. Now, in your chart,  
12 what part of it is filled out or completed  
13 by the triage nurse? Because I have a  
14 couple of different things, I have the  
15 emergency admission assessment and then I  
16 have the emergency physician record.

17 A. Okay.

18 Q. I just want to know who did  
19 what.

20 A. This is -- This part right here  
21 we'll put together that -- this part  
22 (Indicating). You see the ...

23 Q. The emergency admission  
24 assessment?

1 A. Yes. Yeah, assessment, yeah,  
2 that was done by the triage nurse.

3 Q. Okay. And that -- it looks  
4 like it consists of three pages?

5 A. Yes, that is what it looks  
6 like.

7 Q. All right. Okay. And that's  
8 done initially upon presentation then?

9 A. Correct.

10 Q. Okay. In this particular case  
11 what did the triage nurse indicate as far  
12 as vital signs?

13 A. The vital signs?

14 Q. Yeah.

15 A. Appear to be stable.

16 Q. Okay. And what was the reason  
17 that Mr. Dulberg was in the emergency room  
18 that day?

19 A. It says the -- states the chain  
20 saw versus the right arm.

21 Q. All right.

22 A. 15 minutes ago at home.

23 Q. And it also indicates --

24 A. He was feeling light-headed.

1 Q. Okay. Going on to the second  
2 page then, there's under admission  
3 assessment. Is there any indication that  
4 Mr. Dulberg was complaining of pain at that  
5 time? I'm looking up at the top?

6 A. On the top, yes.

7 Q. Yeah.

8 A. Yes.

9 Q. Okay. ~~And he rated the pain as~~  
10 ~~a 9 to 10 on a scale of --~~

11 A. ~~9 out -- 9 out of 1 through 10,~~  
12 ~~yes.~~

13 Q. All right. Was there -- Then  
14 does the triage nurse perform just a  
15 general physical examination at that point?

16 A. Yes.

17 Q. Okay. What were the results of  
18 that general physical examination?

19 A. He was oriented times three,  
20 conscious, alert. The cardiovascular, it  
21 is pink and warm, the skin, and then his  
22 radial pulse in both arms are present, and  
23 he has good capillary refill, lung sounds  
24 are good, and there's no other problem with

*Pain = 9/10*

1 ENT. Everything seemed to be okay except  
2 for the -- Besides the complaint of the  
3 arm, the other assessment is good, I think.

4 Q. Okay. And it looks like he  
5 was, at least under the handwritten notes  
6 there down at the bottom of the second  
7 page --

8 A. Right.

9 Q. -- he was accompanied by  
10 somebody?

*Accompanied  
by coworker?*

11 A. Coworker.

12 Q. Okay. The patient was  
13 initially sent out for an X-ray?

14 A. Yes.

15 Q. Okay. Was that X-ray done, as  
16 far as you know?

17 A. I think it was done.

18 Yes. It was done, yes.

19 Q. Okay. And --

20 A. And then I did look at it. I  
21 have my notes on the X-rays, yeah.

22 Q. And what were the results of  
23 that X-ray?

24 A. It just says there's no

1 Fracture and no malalignment of the bone.

*X-Ray (Darm  
is normal)*

2 Q. Okay. Did it -- Did it show  
3 the laceration to the right forearm?

4 A. The X-ray?

5 Q. Yeah. Would that -- Would that  
6 show up at all on that?

7 A. Sometime it can show up, but I  
8 don't recall. I mean, if it's not a  
9 real -- like it doesn't gape open, it  
10 doesn't necessarily show up on the X-ray.

11 Q. Okay.

12 A. It doesn't --

13 MR. MAST: Soft tissue. It  
14 doesn't show the soft tissue.

15 THE WITNESS: Yeah, it doesn't  
16 show the soft tissue.

17 MR. MAST: That's the X-ray  
18 report.

19 BY MR. ACCARDO:

20 Q. Does that mention anything  
21 about the laceration?

22 A. There's a deep -- Yeah,  
23 there's -- ~~There's a deep soft tissue~~

24 ~~laceration along the ventral surface of the~~

*deep soft tissue  
laceration along  
ventral surface  
of mid-forearm*

1 mid forearm.

2 Q. And would that be more of the  
3 inner side of the right forearm?

*ventral  
surface = inner side*

4 A. Yes. It's on the -- yeah, on  
5 the inner side. ~~We refer to that as~~  
6 ~~ventral surface of forearm belly~~; this is  
7 what it refers to.

8 Q. Okay. And it indicates in  
9 there that it was a ~~deep laceration?~~

10 A. Yes.

11 Q. Is there a general  
12 classification of -- I mean, how do you  
13 rank lacerations and in terms of severity?  
14 I mean, is there some kind of standardized  
15 language for that, whether they be --

16 A. No.

17 Q. -- minor?

18 A. It just des- -- Well, it just  
19 describe the depth. You know, usually when  
20 we see, we have to go like does it go down  
21 deep to the muscle, to the bone? We just  
22 describe what we see.

23 Q. Okay. I guess jumping ahead a  
24 little bit, when you saw Mr. Dulberg, you

1 examined him; is that correct?

2 A. Yes.

3 Q. Was there any -- any type of  
4 measurement or anything like that made as  
5 far as what the depth of the laceration  
6 was? I mean, how --

7 A. The depth of the laceration?

8 Q. How far down it actually went  
9 down?

10 A. Let me see. You really  
11 can't -- You know, ~~you can't really measure~~  
12 ~~the depth.~~ You can just tell like how deep  
13 ~~it goes down.~~ You can't -- Measurement like  
14 by the ruler, is that what you mean by  
15 that?

16 Q. No. Even -- Even just  
17 visual --

18 A. Like a visual.

19 Q. Right.

20 A. Yeah, usually I would say.

21 MR. MAST: You have the length.

22 I don't know about the --

23 BY THE WITNESS:

24 A. They have the length. They



1 didn't have -- Oh, I have on my description  
2 on the laceration page.

3 Q. Yes.

4 A. Under laceration I put down it *wound = irregular*  
5 was -- the wound is irregular shape and it *shaped down to*  
6 went down to the muscle level. That's what *muscle*  
7 I have down there.

8 Q. Okay. Would you consider that  
9 to be a deep laceration, something --

10 A. It's --

11 Q. Something more than  
12 superficial, I would imagine?

13 A. More than superficial, yes.

14 Q. Okay. Would you consider that  
15 to be a deep laceration?

16 A. It's -- It's deeper than  
17 superficial. That's how I, you know ...

18 Q. Okay.

19 A. I just describe it as it went  
20 down to the muscle level. I mean, that is,  
21 yeah, deeper than superficial for sure.

22 Q. Okay. What would be then below  
23 the muscle level had it gone down lower?

24 A. Had it gone down lower? Blood

1 vessels, bone, nerves.

2 Q. Okay. In your examination of  
3 Mr. Dulberg, was there any evidence or any  
4 indication of any nerve injury resulting  
5 from this laceration and looking at the  
6 results of your examination?

7 A. I have here he -- You know, in  
8 my note it says numbness on the right fifth  
9 finger, but on my note it says neuro exam  
10 is intact.

*numbness 5th finger  
neuro intact?*

11 Q. Those appear to be in conflict  
12 a little bit or at least don't correspond?

13 A. Yeah. Maybe a little bit of  
14 conflict. ~~Numbness the fifth finger.~~ I  
15 didn't really go down -- I didn't -- When I  
16 examined, I didn't really go to the detail  
17 of the fifth finger; I just did the --  
18 around the, you know, the wound and then I  
19 checked the function of all the -- the  
20 function of all the muscles and the tendons  
21 appear to be intact.

22 Q. Okay. So he had -- he had full  
23 use of --

24 A. Yeah, all the tendon.

1 Q. -- his arm and his hand?

2 A. Yes.

3 Q. And his fingers?

4 A. Definitely.

5 Q. Would that indication of the  
6 numbness in the right fifth finger, would  
7 that have been the result of a complaint  
8 that Mr. Dulberg would have made or  
9 something that he would have vocalized to  
10 you?

11 A. He did, yeah, because I have it  
12 noted. I put it on the side of my chart  
13 that numbness in the right fifth finger.

14 Q. Is there any type of exam or  
15 test that you would have run during the  
16 course of your examination to test or at  
17 least to correlate that complaint of  
18 numbness in the right fifth finger, any  
19 type of sensation test or anything like  
20 that?

21 A. Yeah, usually just -- I just do  
22 the touch, you know, like touch the finger  
23 and everything and see if it's really  
24 intact and he can feel me touching the

1 fingers. That's what I usually do, yeah.

2 That's the complaint and that's the  
3 examination.

4 Q. Yeah.

5 A. I usually touch the fingers, I  
6 mean, to see -- to indicate whether he can  
7 feel, that's what I usually do.

8 Q. And in this particular case the  
9 results of that test or examination would  
10 have been normal?

11 A. It appear to be normal. I put  
12 down sensation intact in my note.

13 Q. Okay. What was done to repair  
14 the laceration?

15 A. To repair the laceration?  
16 Well, I have in my note that the wound was  
17 contaminated so I gave him the long-acting  
18 anesthetic Marcaine.

19 Q. When you say contaminated, what  
20 does that mean?

21 A. Usually means there's some dirt  
22 in it. Some, you know -- Usually just mean  
23 the dirt. It's not -- The wound is not  
24 clean, yeah. Let's say, yeah, that's just

1 mean the wound wasn't clean.

2 Q. Would it be cleaned out or  
3 irrigated or something like that then?

4 A. Oh, definitely, yeah.

5 Q. Okay.

6 A. That's one of the things we do  
7 is to really irrigate a wound copiously.

*wound  
irrigated*

8 He was given -- He was irrigated with --

9 Well, he was cleaned with Shur-Cleans, which  
10 is a cleansing agent, antibacterial agent,  
11 and he was irrigated with saline, the  
12 sterile saline that we use to care for the  
13 wound care.

14 Q. And then what else was done?  
15 Was he stitched up, or ...

16 A. Yes, he was stitched up. There  
17 was -- He had a little wound debridement,  
18 meaning that the wound -- I have in my note  
19 the wound was irregular, you know, the  
20 wound was very irregular. It was cut by  
21 the chain saw so I had to do some --  
22 debriding means skin trimming because it's  
23 so jagged so I did some of that to trim the  
24 wound edges.

*little  
wound  
debridement  
(trimming of skin)  
b/c  
irregular*

1 Q. Would that have been like  
2 around the outside more on the -- more on  
3 the skin level?

4 A. Yeah, more on the skin on the  
5 outside. That's what I -- That's what I  
6 have in my note. And then -- So I did the  
7 two-layer closure. I did with the -- one  
8 of them is absorbable suture called Vicryl  
9 suture, and I did that. I put in three  
10 stitches under the skin, and then I put in  
11 four stitches with the Prolene suture on  
12 the outside.

*2 layer  
closure*

*3 stitches under  
the skin & 4  
on outside*

13 Q. Are those sutures or stitches  
14 that would have needed to have been removed,  
15 at some point in the future, or would they  
16 be the absorbing kind?

17 A. No, the one on the outside, the  
18 one that's called Prolene, they need to be  
19 removed, but the one called Vicryl on the  
20 inside, those were absorbable.

21 Q. And you said there were 11  
22 stitches on the outside?

*11 stitches outside?*

23 A. On the outside.

24 Q. And three on the inside?

1 A. Three on the inside, yes.

2 Q. Now, I just wanted to clarify.

3 Under length -- Under wound description,  
4 length is 8 centimeters; is that correct?

5 A. Yes.

6 Q. In terms of inches, how much  
7 is -- I mean, I can do the conversion,  
8 but ...

9 A. The math?

10 Q. Yeah.

11 A. Well, it's 2.5 centimeter makes  
12 up one inch so it's 2.5 ...

13 MR. MAST: Three and a half  
14 inches?

15 BY THE WITNESS:

16 A. Three and a half, yeah.

17 Q. Was Mr. Dulberg given any pain  
18 medication in the emergency room?

19 A. I gave him a numbing  
20 medication, the local anesthetic, which --  
21 yeah, I gave it to him, the Marcaine;  
22 that's a local anesthetic.

23 Q. Okay. And that would have been  
24 for pain relief on the site as well as for

wound = 3 1/2  
inches  
long

1 when you did the suturing?

2 A. The suturing, yes.

3 Q. Okay. As far as discharge  
4 instructions, what were his instructions on  
5 discharge?

6 A. The usual thing we give is like  
7 the wound care instruction and we would  
8 give the suture removal in how many days.  
9 The standard is, like, ten days. And then  
10 we usually give the instruction if the  
11 wound appears to be infected. Like if  
12 it's, you know, it's red and swollen, pus  
13 coming out, the patients usually are  
14 instructed to come back to ED for  
15 reexamination. Yeah, that's what -- that's  
16 what we usually do.

17 Q. Okay. As far as any  
18 prescriptions for any pain medication,  
19 anti-inflammatories, anything like that?

20 A. I don't remember what I gave  
21 him. It doesn't say -- Usually I give the  
22 prescription and the nurse would write down  
23 on the discharge paper, that's what I  
24 usually do. But in this situation, I



1 normally would give him -- because of the  
2 severity of the injury, the deep wound and  
3 all, I usually give antibiotic because the  
4 wound is contaminated. I'm really not  
5 sure; I didn't have it -- I don't know, I  
6 didn't write it down but usually the nurse  
7 will write down what medications were given  
8 to patients.

9 Q. I think -- Let me pull --

10 A. Do you see one in there?

11 Q. Yeah, let me pull the discharge  
12 instructions. This is what I have.

13 Does that mention some  
14 medications?

15 A. Oh, yeah, so I gave him some  
16 pain medication, and I gave him, yeah, the  
17 antibiotic. Yes, that's usually what we  
18 would do in this situation, yeah.

19 Q. Okay. And there's no  
20 indication that Mr. Dulberg came back to  
21 the emergency room with any of the  
22 complaints related to infection or anything  
23 like that?

24 A. Not -- I didn't see him again

*given pain  
meds & an  
antibiotic*

1 so I never heard from him again, so I don't  
2 know. I don't think so.

3 Q. Okay. I also -- it looks like  
4 I have some type of restriction or release  
5 form. Does that look familiar to you?

6 A. I don't remember but this is a  
7 form like this. Yeah, we have this kind of  
8 form, like restriction -- work restriction  
9 form.

10 Q. Does it look like that that's  
11 something that you filled out? Is that --  
12 Is that your handwriting or would that have  
13 been somebody else who had filled it out?

14 A. That's done by the nurse.

15 Q. Okay. Under -- Under your  
16 supervision --

17 A. Yes.

18 Q. -- or under your orders?

19 A. Yeah. Well, usually they would  
20 ask, you know, to give him so I said yeah,  
21 go ahead, give it because of the ...

22 Q. Okay. ~~And it looks like he was~~  
23 ~~taken off of work for two days?~~

24 A. ~~Two days, according to that~~

*taken off  
work x2 days*

1 note.

2 Q. All right. Any particular  
3 reason why he would have been taken off of  
4 work for two days? Just because of the  
5 fact that he did have a laceration?

6 A. Yeah, because of the injury  
7 because like -- and also I forgot exactly,  
8 a lot of time I would talk to the patient  
9 like what type of work he does, if it  
10 involved using the arm, the lifting and all  
11 that, so I would, you know, give him the  
12 time of so it wouldn't be aggravating the  
13 injury site; that's -- I usually do that.

14 Q. Okay. Is there -- I didn't see  
15 myself in the notes, is there any  
16 indication or do you have any independent  
17 recollection of what Mr. Dulberg may have  
18 told you about what he did for a living  
19 that would have prompted the two days off  
20 of work?

21 A. No, he did not tell me. I  
22 mean, I don't have a, you know,  
23 recollection of what.

24 Q. Okay. Given the nature of his

1 injury and the care that you gave him, is  
2 the two days off of work pretty standard?

3 I mean --

4 A. Yes.

5 Q. -- that's not unusual.

6 A. Yeah, it's not unusual. And  
7 what happened is like the patient, a lot of  
8 time they have their own doctor, you know,  
9 so we'll give two days off work and then if  
10 they need more, they are encouraged to  
11 follow up with a doctor and then, you know,  
12 if they need more days to be off work, they  
13 can get that extension from the doctor.

14 Q. Okay.

15 MR. ACCARDO: All right. I  
16 don't think I have anything else. Thank  
17 you, Doctor.

18

19 EXAMINATION

20 BY: MR. MAST

21

22 Q. I don't know if you put it in  
23 the notes because I haven't read the  
24 discharge, but was he told or was it just

1 expected that he would follow up with his  
2 own doctor if he had any other issues or to  
3 get the stitches removed, things like that?

4 A. The procedure, he can follow up  
5 with his own doctors or come back  
6 to the ED  
7 if he needed to.

8 Q. It was left up to him then?

9 A. Left up to him, yes.

10 Q. Okay. All right. You  
11 didn't -- I mean, the -- I thought you said  
12 the numbness, he had a complaint of some  
13 numbness in the finger?

14 A. Yes.

15 Q. Okay. You did an examination  
16 and didn't -- The exam -- Were you able to  
17 discount the numbness or you just weren't  
18 able to find the reason for the numbness or  
19 what was the exam and how did that relate  
20 to his complaint?

21 A. I can only go by my exam, and  
22 it says the neuro exam is intact, you know.

23 Q. But does that -- When you say  
24 it's intact, does that mean he didn't have

1 the numbness or there wasn't really  
2 anything at that point going on to be a  
3 serious issue that needs to be followed up  
4 on?

5 A. I didn't think it was serious,  
6 and another thing is when somebody has a  
7 laceration, there's a possibility that the  
8 nerve would have been, you know, cut too,  
9 you know, and if there's like a tiny little  
10 nerve, you really can't repair those, you  
11 know, and then a lot of time the numbness,  
12 patient will regain that back.

13 Q. Okay.

14 A. People come in and complain  
15 like that and we do the exam and it's  
16 intact and then we just have to see because  
17 everybody that has a cut can't go to  
18 microsurgery to get the nerve.

19 Q. Right.

20 A. You know, a lot of time this  
21 function will come back.

22 Q. All right. And that's what I'm  
23 trying to understand.

24 A. Yeah.

1 Q. Is he had numbness. You did  
2 the exam and there wasn't anything  
3 significant on the exam?

4 A. No, nothing significant.

5 Q. So that's all you could do at  
6 that point and hopefully later on it  
7 resolves, right? \*\*

8 A. Yes.

9 Q. You're not saying your exam  
10 discounted the fact that he had the  
11 numbness? You accept the fact he might  
12 have had numbness, correct?

13 A. Yes.

14 Q. Okay. The exam doesn't  
15 discount the fact that he had numbness, it  
16 just discounts the severity of any issue  
17 that's ongoing at that point?

18 A. Yes.

19 Q. Were you able in your exam at  
20 all to negate or discount any nerve  
21 involvement, or is that left up to later on  
22 other doctors?

23 A. I can't negate a nerve  
24 involvement.

1 Q. Okay. That's up to other  
2 doctors then?

3 A. Yes.

4 Q. Because you didn't see him  
5 since?

6 A. No.

7 Q. So whether there was any nerve  
8 or even some significant muscle  
9 involvement, you're not here to say that it  
10 was or it wasn't; that's up to somebody  
11 else later on down the road?

12 A. The muscle part, I mean I can  
13 only go by my note. There's some muscle  
14 involvement. I don't know. I don't have  
15 an independent recollection of you know.

16 Q. Right. How much the muscle got  
17 involved, is that what you're saying?

18 A. Yeah, I can't.

19 Q. Okay. That's what I'm saying  
20 though, to the extent of the muscle

21 involvement or whether there was any nerve  
22 issue later on, that is something you're  
23 not able to say yes or no about; that's

24 something that other doctors that have seen

Can't say  
whether any pig  
nerve or muscle  
involvement  
b/c that would be  
up to a doc that  
told him later





1 him since would have to talk about; is that

2 correct?

3 A. Yes.

4 Q. Okay.

5 MR. MAST: That's all I have.

6 MR. BARCH: I have a couple

7 questions, follow-up.

8 THE WITNESS: Yes.

9

10 EXAMINATION

11 BY: MR. BARCH

12

13 Q. If I understood your earlier

14 testimony, the wound -- the laceration that

15 you -- did reach the muscle but it didn't

16 get deep enough to catch like, for

17 instance, the ulnar nerve itself,

18 A. I don't think so.

19 Q. Okay. But there are smaller

20 nerves that come off the ulnar nerve which

21 innervate the muscles and also out to the

22 skin for sensation; those might have been

23 cut?

24 A. Possible, yes.

*Don't think ulnar  
nerve cut*

*Maybe smaller  
nerves that come off  
ulnar nerve may  
have been cut*

1 Q. Okay. But you didn't test that  
2 to know for sure?

3 A. ~~Yes~~.

4 Q. Okay.

5 MR. BARCH: That's all I have.

6 Thank you.

7 MR. MAST: All right. Thank  
8 you, Doctor.

9 THE WITNESS: Thank you so much.

10 MR. ACCARDO: Signature? Would  
11 you like to waive it, reserve it? Do I  
12 need to explain it?

13 THE WITNESS: Yeah, would you  
14 explain it to me?

15 MR. ACCARDO: If you -- If you  
16 waive it, it basically means that you're  
17 trusting that the court reporter took  
18 everything down accurately. If you reserve  
19 it, you have the right to read the  
20 transcript before it's actually finalized.  
21 You have to sign off on it and when you  
22 read it, you can make any --

23 THE WITNESS: Amendment?

24 MR. ACCARDO: -- corrections for

1     typographical errors.

2                   THE WITNESS:   Okay.

3                   MR. ACCARDO:   Things like that.

4     You can't change your answers, but you can  
5     look for typographical errors and things  
6     like that.   So it's up to you.   I'll tell  
7     you that probably 99 percent of doctors  
8     usually waive their signatures.

9                   THE WITNESS:   I can waive it.

10                  MR. ACCARDO:   All right.   We'll  
11     show signature waived then.

12                  THE WITNESS:   Okay.

13                  MR. ACCARDO:   All right.   Thank  
14     you, Doctor.

15                  THE WITNESS:   Thank you so much.

16

17

18

19

20

21

22

23

24

1     STATE OF ILLINOIS     )  
2                             )   SS:

3     COUNTY OF C O O K     )  
4  
5  
6  
7

8                             I, Margaret Maggie Orton,  
9     CSR, Certified Shorthand Reporter, and RPR,  
10    Registered Professional Reporter, do hereby  
11    certify that APIWAT FORD, DO, on  
12    November 20, 2013 was by me first duly  
13    sworn to testify to the truth, the whole  
14    truth, and nothing but the truth, and that  
15    the above deposition was recorded  
16    stenographically by me and transcribed by  
17    me.

18                            I FURTHER CERTIFY that the  
19    foregoing transcript of said deposition is  
20    a true, correct, and complete transcript of  
21    the testimony given by the said witness at  
22    the time and place specified.

23                            I FURTHER CERTIFY that I am not  
24    a relative or employee or attorney or

1 employee of such attorney or counsel, or  
2 financially interested directly or  
3 indirectly in this action.

4 IN WITNESS WHEREOF, I have set  
5 my hand.

6

7

8

9

10 Margaret Maggie Orton  
11 Certified Shorthand Reporter  
12 Certificate No. 84-004046

13

14

15

16

17

18

19

20

21

22

23

24

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24