

Per instruction provided by Jim, Lead Court Specialist McHenry County Circuit Clerk, attached to this Court Case #2025LA000360, Page are the **17th Batch** of Exhibits to be attached to the Complaint 25LA360 filed on December 4, 2025.

The following file names correspond to the attached exhibits and provide the designation of the exhibit at the beginning of each file name:

Exhibit 152_Part 3 of 7_Dulberg Document Disclosure FINAL 2019 May 29.pdf