

1 STATE OF ILLINOIS)
) SS.
 2 COUNTY OF M C H E N R Y)

3
 4 IN THE CIRCUIT COURT FOR THE TWENTY-SECOND
 JUDICIAL CIRCUIT, MCHENRY COUNTY, ILLINOIS

5

6

PAUL DULBERG,)
)
 Plaintiff,)

8

VS.

) Case No.
) 12 LA 178

9

DAVID GAGNON, Individually,)
 10 and as Agent of CAROLINE)
 McGUIRE and BILL McGUIRE,)
 11 and CAROLINE McGUIRE and)
 BILL McGUIRE, Individually,)
 12)
 Defendants.)

13

14 The deposition of

15

APIWAT FORD, DO

16

November 20, 2013

17

18

Reported by:

19

Margaret Maggie Orton, CSR, RPR
 VAHL REPORTING SERVICE, LTD
 (847) 244-4117

20

11 N. Skokie Highway, Suite 301

21

Lake Bluff, Illinois 60044

and

22

53 W. Jackson Boulevard, Suite 656

23

The subpoenaed deposition of APIWAT

24

FORD, DO, taken before Margaret Maggie

1 Orton, CSR, RPR, on November 20, 2013, at
2 the hour of 10:03 o'clock a.m., at
3 4209 West Medical Center Drive, McHenry,
4 Illinois.

5
6
7 APPEARANCES:

8
9 MR. HANS A. MAST, of the Law Offices of
10 THOMAS J. POPOVICH
11 3416 West Elm Street
12 McHenry, Illinois 60050

13 appeared on behalf of plaintiff;

14 MR. PERRY A. ACCARDO, of the Law Offices of
15 STEVEN A. LIHOSIT
16 200 North LaSalle Street
17 Chicago, Illinois 60601

18 appeared on behalf of defendant
19 David A. Gagnon;

20 MR. RONALD A. BARCH, of the Law Offices of
21 CICERO & FRANCE
22 6323 East Riverside Boulevard
23 Rockford, Illinois 61114

24 appeared on behalf of the Defendants
25 Caroline McGuire and Bill McGuire.

26
27 I N D E X

28
29 PAGE

30 WITNESS: APIWAT FORD, DO

1 EXAMINATION

2 BY: MR. ACCARDO

4

3 EXAMINATION

4 BY: MR. MAST

28

5 EXAMINATION

6 BY: MR. BARCH

33

7

8

9

10

11

12

13

14

E X H I B I T S

15

16

17 NONE MARKED

18

19

20

21

22

23

24

1 (Witness sworn.)

2
3 APIWAT FORD, DO,
4 called as a witness, having been first duly
5 sworn, was examined and testified as
6 follows:

7
8 EXAMINATION

9 BY: MR. ACCARDO

10
11 Q. Now, Doctor, could you please
12 state your name and spell it for the court
13 reporter?

14 A. Yes, my first name is Apiwat,
15 A P I W A T. Last name is Ford, F O R D.

16 MR. ACCARDO: Let the record
17 reflect this is the discovery deposition of
18 Dr. Apiwat Ford taken pursuant to subpoena,
19 taken in accordance with the rules of the
20 Circuit Court of McHenry County, the Rules
21 of the Supreme Court of the State of
22 Illinois, and any other applicable local
23 court rules.

24 BY MR. ACCARDO:

(Daw-IT-ER
in June '11

1 Q. Good morning, Dr. Ford. My
2 name is Perry Accardo, and I'm going to be
3 asking you some questions today about a
4 patient that you saw in the emergency room
5 back in June of 2011, okay?

6 A. Okay.

7 Q. All right. Have you given a
8 deposition before?

9 A. Yes, I have.

10 Q. All right. And you're familiar
11 with the ground rules governing depositions
12 then?

13 A. Yes.

14 Q. All right. Great. You are a
15 medical doctor; is that correct?

16 A. Correct.

17 Q. And you're licensed to practice
18 medicine in Illinois?

19 A. Yes.

20 Q. What type of doctor are you?
21 Do you have a specialty?

22 A. Yes, I'm emergency medicine
23 doctor.

24 Q. Okay. And where are you

Emergency
Medicine

1 currently employed?

2 A. At Centegra Hospitals.

3 Q. Okay.

4 A. Centegra Healthcare; they're
5 two hospitals.

6 Q. All right. And back in --

7

8 (After a brief interruption,

9 the deposition resumed as

10 follows:)

11

12 BY MR. ACCARDO:

13 Q. And you said that there's --

14 you said that there's two hospitals in the
15 system?

16 A. Yes.

17 Q. And what are those two
18 hospitals?

19 A. Centegra McHenry and Centegra
20 Woodstock.

21 Q. All right. And today we're at
22 Centegra --

23 A. McHenry.

24 Q. -- McHenry, right?

1 A. Yes.

2 Q. Okay. Now, back in June
3 of 2011, you were employed for Cen- -- you
4 were employed with Centegra?

5 A. Yes.

6 Q. Okay. And also as an emergency
7 room doctor?

8 A. Correct.

9 Q. All right. Could you just sort
10 of briefly describe to me what an emergency
11 room doctor specializes -- what the
12 specialty is comprised of?

13 A. Well, we work in the emergency
14 department and take care of all sorts of
15 patients that come through the ER.

16 Q. Okay.

17 A. You know, injury, fever, cough.
18 I mean, it's like all encompassing, kind of
19 like the jack-of-all-trade type of thing.

20 Q. I got you. Back in June --
21 actually June 28th of 2011, you were
22 working in the emergency room?

23 A. Yes.

24 Q. Okay. And which hospital was

1 that at? Was that at the McHenry location?

2 A. Is in McHenry location.

3 Q. Okay. And you had an occasion
4 to see an individual who came into the
5 emergency room by the name of Paul Dulberg;
6 is that correct?

7 A. Yes.

8 Q. Do you have any independent
9 recollection whatsoever of Mr. Dulberg or
10 his injury?

11 A. I do not.

12 Q. Okay. That's fine. You do
13 have your chart here today from the
14 emergency room; is that correct?

15 A. Yes.

16 Q. And does that comprise your
17 entire chart for the emergency room care
18 that Mr. Dulberg received?

19 A. Yes.

20 Q. All right. Would it help you
21 to -- when you're testifying to refer to
22 your chart?

23 A. Yes.

24 Q. All right.

*no ind
recollection
of it*

1 A. It will be a big help.

2 Q. Please feel free to do that.

3 All right. So Mr. Dulberg came into the
4 emergency room. Now, initially what is the
5 procedure when one comes into the emergency
6 room? Are they examined by a nurse
7 initially, and at some point they see a
8 doctor? How does that all work?

9 A. Yes, usually when they come
10 through the emergency department, they're
11 first seen by the triage nurses out in the
12 receiving area, and then the nurse go over
13 the vital signs and the complaints and
14 everything and then kind of set the
15 criteria whether this is -- like how
16 serious this situation is level. If it's
17 like a -- They give a ranking number like
18 to 5, if it's real critical, not as
19 critical or, you know, that type of thing,
20 and then the patient will be put on the
21 computer and will come through the ED, you
22 know, the -- by the priority of the
23 severity of the illnesses.

24 Q. Okay. And that initial

1 assessment is made by the triage nurse?

2 A. Triage nurses, yes.

3 Q. All right. Now, in looking
4 at -- Well, actually let me ask you this:
5 When the triage nurse does the initial
6 examination and I guess, for lack of a
7 better term, intake, do they make their own
8 notes and fill out their own part of the
9 chart?

10 A. They do, yes.

11 Q. All right. Now, in your chart,
12 what part of it is filled out or completed
13 by the triage nurse? Because I have a
14 couple of different things, I have the
15 emergency admission assessment and then I
16 have the emergency physician record.

17 A. Okay.

18 Q. I just want to know who did
19 what.

20 A. This is -- This part right here
21 we'll put together that -- this part
22 (Indicating). You see the ...

23 Q. The emergency admission
24 assessment?

1 A. Yes. Yeah, assessment, yeah,
2 that was done by the triage nurse.

3 Q. Okay. And that -- it looks
4 like it consists of three pages?

5 A. Yes, that is what it looks
6 like.

7 Q. All right. Okay. And that's
8 done initially upon presentation then?

9 A. Correct.

10 Q. Okay. In this particular case
11 what did the triage nurse indicate as far
12 as vital signs?

13 A. The vital signs?

14 Q. Yeah.

15 A. Appear to be stable.

16 Q. Okay. And what was the reason
17 that Mr. Dulberg was in the emergency room
18 that day?

19 A. It says the -- states the chain
20 saw versus the right arm.

21 Q. All right.

22 A. 15 minutes ago at home.

23 Q. And it also indicates --

24 A. He was feeling light-headed.

1 Q. Okay. Going on to the second
2 page then, there's under admission
3 assessment. Is there any indication that
4 Mr. Dulberg was complaining of pain at that
5 time? I'm looking up at the top?

6 A. On the top, yes.

7 Q. Yeah.

8 A. Yes.

9 Q. Okay. ~~And he rated the pain as~~
10 ~~a 9 to 10 on a scale of~~ --

11 A. ~~9 out -- 9 out of 1 through 10,~~
12 ~~yes.~~

13 Q. All right. Was there -- Then
14 does the triage nurse perform just a
15 general physical examination at that point?

16 A. Yes.

17 Q. Okay. What were the results of
18 that general physical examination?

19 A. He was oriented times three,
20 conscious, alert. The cardiovascular, it
21 is pink and warm, the skin, and then his
22 radial pulse in both arms are present, and
23 he has good capillary refill, lung sounds
24 are good, and there's no other problem with

Pain = 9/10

1 ENT. Everything seemed to be okay except
2 for the -- Besides the complaint of the
3 arm, the other assessment is good, I think.

4 Q. Okay. And it looks like he
5 was, at least under the handwritten notes
6 there down at the bottom of the second
7 page --

8 A. Right.

9 Q. -- he was accompanied by
10 somebody?

*Accompanied
by coworker?*

11 A. ~~Coworker.~~

12 Q. Okay. The patient was
13 initially sent out for an X-ray?

14 A. Yes.

15 Q. Okay. Was that X-ray done, as
16 far as you know?

17 A. I think it was done.

18 Yes. It was done, yes.

19 Q. Okay. And --

20 A. And then I did look at it. I
21 have my notes on the X-rays, yeah.

22 Q. And what were the results of
23 that X-ray?

24 A. ~~It just says there's no~~

1 ~~Fracture and no malalignment of the bone.~~

*X-Ray (Darm
is normal)*

2 Q. Okay. Did it -- Did it show
3 the laceration to the right forearm?

4 A. The X-ray?

5 Q. Yeah. Would that -- Would that
6 show up at all on that?

7 A. Sometime it can show up, but I
8 don't recall. I mean, if it's not a
9 real -- like it doesn't gape open, it
10 doesn't necessarily show up on the X-ray.

11 Q. Okay.

12 A. It doesn't --

13 MR. MAST: Soft tissue. It
14 doesn't show the soft tissue.

15 THE WITNESS: Yeah, it doesn't
16 show the soft tissue.

17 MR. MAST: That's the X-ray
18 report.

19 BY MR. ACCARDO:

20 Q. Does that mention anything
21 about the laceration?

22 A. There's a deep -- Yeah,
23 there's -- ~~There's a deep soft tissue~~

24 ~~laceration along the ventral surface of the~~

*deep soft tissue
laceration along
ventral surface
of mid-forearm*

1 mid forearm.

2 Q. And would that be more of the
3 inner side of the right forearm?

*ventral
surface = inner side*

4 A. Yes. It's on the -- yeah, on
5 the inner side. We refer to that as
6 ventral surface of forearm belly; this is
7 what it refers to.

8 Q. Okay. And it indicates in
9 there that it was a deep laceration?

10 A. Yes.

11 Q. Is there a general
12 classification of -- I mean, how do you
13 rank lacerations and in terms of severity?
14 I mean, is there some kind of standardized
15 language for that, whether they be --

16 A. No.

17 Q. -- minor?

18 A. It just des- -- Well, it just
19 describe the depth. You know, usually when
20 we see, we have to go like does it go down
21 deep to the muscle, to the bone? We just
22 describe what we see.

23 Q. Okay. I guess jumping ahead a
24 little bit, when you saw Mr. Dulberg, you

1 examined him; is that correct?

2 A. Yes.

3 Q. Was there any -- any type of
4 measurement or anything like that made as
5 far as what the depth of the laceration
6 was? I mean, how --

7 A. The depth of the laceration?

8 Q. How far down it actually went
9 down?

10 A. Let me see. You really
11 can't -- You know, ~~you can't really measure~~
12 ~~the depth.~~ You can just tell like how deep
13 ~~it goes to.~~ You can't -- Measurement like
14 by the ruler, is that what you mean by
15 that?

16 Q. No. Even -- Even just
17 visual --

18 A. Like a visual.

19 Q. Right.

20 A. Yeah, usually I would say.

21 MR. MAST: You have the length.

22 I don't know about the --

23 BY THE WITNESS:

24 A. They have the length. They

1 didn't have -- Oh, I have on my description
2 on the laceration page.

3 Q. Yes.

4 A. ~~Under laceration I put down it~~ *wound = irregular*
5 ~~was -- the wound is irregular shape and it~~ *shaped down to*
6 ~~went down to the muscle level~~ *muscle* That's what
7 I have down there.

8 Q. Okay. Would you consider that
9 to be a deep laceration, something --

10 A. It's --

11 Q. Something more than
12 superficial, I would imagine?

13 A. More than superficial, yes.

14 Q. Okay. Would you consider that
15 to be a deep laceration?

16 A. It's -- It's deeper than
17 superficial. That's how I, you know ...

18 Q. Okay.

19 A. ~~I just describe it as it went~~
20 ~~down to the muscle level. I mean, that is,~~
21 ~~yeah, deeper than superficial for sure.~~

22 Q. Okay. What would be then below
23 the muscle level had it gone down lower?

24 A. Had it gone down lower? Blood

1 vessels, bone, nerves.

2 Q. Okay. In your examination of
3 Mr. Dulberg, was there any evidence or any
4 indication of any nerve injury resulting
5 from this laceration and looking at the
6 results of your examination?

7 A. I have here he -- You know, in
8 my note it says numbness on the right fifth
9 finger, but on my note it says neuro exam
10 is intact.

*numbness 5th finger
neuro intact?*

11 Q. Those appear to be in conflict
12 a little bit or at least don't correspond?

13 A. Yeah. Maybe a little bit of
14 conflict. ~~(Numbness the fifth finger)~~ I
15 didn't really go down -- I didn't -- When I
16 examined, I didn't really go to the detail
17 of the fifth finger; I just did the --
18 around the, you know, the wound and then I
19 checked the function of all the -- the
20 function of all the muscles and the tendons
21 appear to be intact.

22 Q. Okay. So he had -- he had full
23 use of --

24 A. Yeah, all the tendon.

1 Q. -- his arm and his hand?

2 A. Yes.

3 Q. And his fingers?

4 A. Definitely.

5 Q. Would that indication of the
6 numbness in the right fifth finger, would
7 that have been the result of a complaint
8 that Mr. Dulberg would have made or
9 something that he would have vocalized to
10 you?

11 A. He did, yeah, because I have it
12 noted. I put it on the side of my chart
13 that numbness in the right fifth finger.

14 Q. Is there any type of exam or
15 test that you would have run during the
16 course of your examination to test or at
17 least to correlate that complaint of
18 numbness in the right fifth finger, any
19 type of sensation test or anything like
20 that?

21 A. Yeah, usually just -- I just do
22 the touch, you know, like touch the finger
23 and everything and see if it's really
24 intact and he can feel me touching the

1 fingers. That's what I usually do, yeah.

2 That's the complaint and that's the
3 examination.

4 Q. Yeah.

5 A. I usually touch the fingers, I
6 mean, to see -- to indicate whether he can
7 feel, that's what I usually do.

8 Q. And in this particular case the
9 results of that test or examination would
10 have been normal?

11 A. It appear to be normal. I put
12 down sensation intact in my note.

13 Q. Okay. What was done to repair
14 the laceration?

15 A. To repair the laceration?
16 Well, I have in my note that the wound was
17 contaminated so I gave him the long-acting
18 anesthetic Marcaine.

19 Q. When you say contaminated, what
20 does that mean?

21 A. Usually means there's some dirt
22 in it. Some, you know -- Usually just mean
23 the dirt. It's not -- The wound is not
24 clean, yeah. Let's say, yeah, that's just

1 mean the wound wasn't clean.

2 Q. Would it be cleaned out or
3 irrigated or something like that then?

4 A. Oh, definitely, yeah.

5 Q. Okay.

6 A. That's one of the things we do
7 is to really irrigate a wound copiously.

*wound
irrigated*

8 He was given -- He was irrigated with --

9 Well, he was cleaned with Shur-Cleans, which
10 is a cleansing agent, antibacterial agent,
11 and he was irrigated with saline, the
12 sterile saline that we use to care for the
13 wound care.

14 Q. And then what else was done?
15 Was he stitched up, or ...

16 A. Yes, he was stitched up. There
17 was -- He had a little wound debridement,
18 meaning that the wound -- I have in my note
19 the wound was irregular, you know, the
20 wound was very irregular. It was cut by
21 the chain saw so I had to do some --
22 debridement means skin trimming because it's
23 so jagged so I did some of that to trim the
24 wound edges.

*little
wound
debridement
(trimming of skin)
b/c
irregular*

1 Q. Would that have been like
2 around the outside more on the -- more on
3 the skin level?

4 A. Yeah, more on the skin on the
5 outside. That's what I -- That's what I
6 have in my note. And then -- So I did the
7 two-layer closure. I did with the -- one
8 of them is absorbable suture called Vicryl
9 suture, and I did that. I put in three
10 stitches under the skin, and then I put in
11 four stitches with the Prolene suture on
12 the outside.

*2 layer
Closure*

*3 stitches under
the skin & 4
on outside*

13 Q. Are those sutures or stitches
14 that would have needed to have been removed
15 at some point in the future, or would they
16 be the absorbing kind?

17 A. No, the one on the outside, the
18 one that's called Prolene, they need to be
19 removed, but the one called Vicryl on the
20 inside, those were absorbable.

21 Q. And you said there were 11
22 stitches on the outside?

11 stitches outside?

23 A. On the outside.

24 Q. And three on the inside?

1 A. Three on the inside, yes.

2 Q. Now, I just wanted to clarify.

3 Under length -- Under wound description,
4 length is 8 centimeters; is that correct?

5 A. Yes.

6 Q. In terms of inches, how much
7 is -- I mean, I can do the conversion,
8 but ...

9 A. The math?

10 Q. Yeah.

11 A. Well, it's 2.5 centimeter makes
12 up one inch so it's 2.5 ...

13 MR. MAST: Three and a half
14 inches?

15 BY THE WITNESS:

16 A. Three and a half, yeah.

17 Q. Was Mr. Dulberg given any pain
18 medication in the emergency room?

19 A. I gave him a numbing
20 medication, the local anesthetic, which --
21 yeah, I gave it to him, the Marcaine;
22 that's a local anesthetic.

23 Q. Okay. And that would have been
24 for pain relief on the site as well as for

wound = 3 1/2
inches
long

1 when you did the suturing?

2 A. The suturing, yes.

3 Q. Okay. As far as discharge
4 instructions, what were his instructions on
5 discharge?

6 A. The usual thing we give is like
7 the wound care instruction and we would
8 give the suture removal in how many days.
9 The standard is, like, ten days. And then
10 we usually give the instruction if the
11 wound appears to be infected. Like if
12 it's, you know, it's red and swollen, pus
13 coming out, the patients usually are
14 instructed to come back to ED for
15 reexamination. Yeah, that's what -- that's
16 what we usually do.

17 Q. Okay. As far as any
18 prescriptions for any pain medication,
19 anti-inflammatories, anything like that?

20 A. I don't remember what I gave
21 him. It doesn't say -- Usually I give the
22 prescription and the nurse would write down
23 on the discharge paper, that's what I
24 usually do. But in this situation, I

1 normally would give him -- because of the
2 severity of the injury, the deep wound and
3 all, I usually give antibiotic because the
4 wound is contaminated. I'm really not
5 sure; I didn't have it -- I don't know, I
6 didn't write it down but usually the nurse
7 will write down what medications were given
8 to patients.

9 Q. I think -- Let me pull --

10 A. Do you see one in there?

11 Q. Yeah, let me pull the discharge
12 instructions. This is what I have.

13 Does that mention some
14 medications?

15 A. Oh, yeah, so I gave him some
16 pain medication, and I gave him, yeah, the
17 antibiotic. Yes, that's usually what we
18 would do in this situation, yeah.

19 Q. Okay. And there's no
20 indication that Mr. Dulberg came back to
21 the emergency room with any of the
22 complaints related to infection or anything
23 like that?

24 A. Not -- I didn't see him again

*given pain
meds & an
antibiotic*

1 so I never heard from him again, so I don't
2 know. I don't think so.

3 Q. Okay. I also -- it looks like
4 I have some type of restriction or release
5 form. Does that look familiar to you?

6 A. I don't remember but this is a
7 form like this. Yeah, we have this kind of
8 form, like restriction -- work restriction
9 form.

10 Q. Does it look like that that's
11 something that you filled out? Is that --
12 Is that your handwriting or would that have
13 been somebody else who had filled it out?

14 A. That's done by the nurse.

15 Q. Okay. Under -- Under your
16 supervision --

17 A. Yes.

18 Q. -- or under your orders?

19 A. Yeah. Well, usually they would
20 ask, you know, to give him so I said yeah,
21 go ahead, give it because of the ...

22 Q. Okay. And it looks like he was
23 taken off of work for two days?

24 A. Two days, according to that

*taken off
work x2 days*

1 note.

2 Q. All right. Any particular
3 reason why he would have been taken off of
4 work for two days? Just because of the
5 fact that he did have a laceration?

6 A. Yeah, because of the injury
7 because like -- and also I forgot exactly,
8 a lot of time I would talk to the patient
9 like what type of work he does, if it,
10 involved using the arm, the lifting and all
11 that, so I would, you know, give him the
12 time of so it wouldn't be aggravating the
13 injury site; that's -- I usually do that.

14 Q. Okay. Is there -- I didn't see
15 myself in the notes, is there any
16 indication or do you have any independent
17 recollection of what Mr. Dulberg may have
18 told you about what he did for a living
19 that would have prompted the two days off
20 of work?

21 A. No, he did not tell me. I
22 mean, I don't have a, you know,
23 recollection of what.

24 Q. Okay. Given the nature of his

1 injury and the care that you gave him, is
2 the two days off of work pretty standard?

3 I mean --

4 A. Yes.

5 Q. -- that's not unusual.

6 A. Yeah, it's not unusual. And
7 what happened is like the patient, a lot of
8 time they have their own doctor, you know,
9 so we'll give two days off work and then if
10 they need more, they are encouraged to
11 follow up with a doctor and then, you know,
12 if they need more days to be off work, they
13 can get that extension from the doctor.

14 Q. Okay.

15 MR. ACCARDO: All right. I
16 don't think I have anything else. Thank
17 you, Doctor.

18

19 EXAMINATION

20 BY: MR. MAST

21

22 Q. I don't know if you put it in
23 the notes because I haven't read the
24 discharge, but was he told or was it just

1 expected that he would follow up with his
2 own doctor if he had any other issues or to
3 get the stitches removed, things like that?

4 A. The procedure, he can follow up
5 with his own doctors or come back
6 to the ED
7 if he needed to.

8 Q. It was left up to him then?

9 A. Left up to him, yes.

10 Q. Okay. All right. You
11 didn't -- I mean, the -- I thought you said
12 the numbness, he had a complaint of some
13 numbness in the finger?

14 A. Yes.

15 Q. Okay. You did an examination
16 and didn't -- The exam -- Were you able to
17 discount the numbness or you just weren't
18 able to find the reason for the numbness or
19 what was the exam and how did that relate
20 to his complaint?

21 A. I can only go by my exam, and
22 it says the neuro exam is intact, you know.

23 Q. But does that -- When you say
24 it's intact, does that mean he didn't have

1 the numbness or there wasn't really
2 anything at that point going on to be a
3 serious issue that needs to be followed up
4 on?

5 A. I didn't think it was serious,
6 and another thing is when somebody has a
7 laceration, there's a possibility that the
8 nerve would have been, you know, cut too,
9 you know, and if there's like a tiny little
10 nerve, you really can't repair those, you
11 know, and then a lot of time the numbness,
12 patient will regain that back.

13 Q. Okay.

14 A. People come in and complain
15 like that and we do the exam and it's
16 intact and then we just have to see because
17 everybody that has a cut can't go to
18 microsurgery to get the nerve.

19 Q. Right.

20 A. You know, a lot of time this
21 function will come back.

22 Q. All right. And that's what I'm
23 trying to understand.

24 A. Yeah.

1 Q. Is he had numbness. You did
2 the exam and there wasn't anything
3 significant on the exam?

4 A. No, nothing significant.

5 Q. So that's all you could do at
6 that point and hopefully later on it
7 resolves, right? *

8 A. Yes.

9 Q. You're not saying your exam
10 discounted the fact that he had the
11 numbness? You accept the fact he might
12 have had numbness, correct?

13 A. Yes.

14 Q. Okay. The exam doesn't
15 discount the fact that he had numbness; it
16 just discounts the severity of any issue
17 that's ongoing at that point?

18 A. Yes.

19 Q. Were you able in your exam at
20 all to negate or discount any nerve
21 involvement, or is that left up to later on
22 other doctors?

23 A. I can't negate a nerve
24 involvement.

1 Q. Okay. That's up to other
2 doctors then?

3 A. Yes.

4 Q. Because you didn't see him
5 since?

6 A. No.

7 Q. So whether there was any nerve
8 or even some significant muscle
9 involvement, you're not here to say that it
10 was or it wasn't; that's up to somebody
11 else later on down the road?

12 A. The muscle part, I mean I can
13 only go by my note. There's some muscle
14 involvement. I don't know. I don't have
15 an independent recollection of you know.

16 Q. Right. How much the muscle got
17 involved, is that what you're saying?

18 A. Yeah, I can't.

19 Q. Okay. That's what I'm saying
20 though, to the extent of the muscle

21 involvement or whether there was any nerve
22 issue later on, that is something you're
23 not able to say yes or no about; that's

24 something that other doctors that have seen

Can't say
whether any pig
nerve or muscle
involvement
b/c that would be
up to a doc that
told him later

1 him since would have to talk about; is that

2 correct?

3 A. Yes.

4 Q. Okay.

5 MR. MAST: That's all I have.

6 MR. BARCH: I have a couple
7 questions, follow-up.

8 THE WITNESS: Yes.

9

10 EXAMINATION

11 BY: MR. BARCH

12

13 Q. If I understood your earlier
14 testimony, the wound -- the laceration that
15 you -- did reach the muscle but it didn't
16 get deep enough to catch like, for
17 instance, the ulnar nerve itself,

18 A. I don't think so.

19 Q. Okay. But there are smaller
20 nerves that come off the ulnar nerve which
21 innervate the muscles and also out to the
22 skin for sensation; those might have been
23 cut?

24 A. Possible, yes.

*Don't think ulnar
nerve cut*

*Maybe smaller
nerves that come off
ulnar nerve may
have been cut*

1 Q. Okay. But you didn't test that
2 to know for sure?

3 A. No.

4 Q. Okay.

5 MR. BARCH: That's all I have.

6 Thank you.

7 MR. MAST: All right. Thank

8 you, Doctor.

9 THE WITNESS: Thank you so much.

10 MR. ACCARDO: Signature? Would

11 you like to waive it, reserve it? Do I

12 need to explain it?

13 THE WITNESS: Yeah, would you

14 explain it to me?

15 MR. ACCARDO: If you -- If you

16 waive it, it basically means that you're

17 trusting that the court reporter took

18 everything down accurately. If you reserve

19 it, you have the right to read the

20 transcript before it's actually finalized.

21 You have to sign off on it and when you

22 read it, you can make any --

23 THE WITNESS: Amendment?

24 MR. ACCARDO: -- corrections for

1 typographical errors.

2 THE WITNESS: Okay.

3 MR. ACCARDO: Things like that.

4 You can't change your answers, but you can
5 look for typographical errors and things
6 like that. So it's up to you. I'll tell
7 you that probably 99 percent of doctors
8 usually waive their signatures.

9 THE WITNESS: I can waive it.

10 MR. ACCARDO: All right. We'll
11 show signature waived then.

12 THE WITNESS: Okay.

13 MR. ACCARDO: All right. Thank
14 you, Doctor.

15 THE WITNESS: Thank you so much.

16

17

18

19

20

21

22

23

24

1 STATE OF ILLINOIS)
2) SS:
3 COUNTY OF C O O K)

4
5
6
7
8 I, Margaret Maggie Orton,
9 CSR, Certified Shorthand Reporter, and RPR,
10 Registered Professional Reporter, do hereby
11 certify that APIWAT FORD, DO, on
12 November 20, 2013 was by me first duly
13 sworn to testify to the truth, the whole
14 truth, and nothing but the truth, and that
15 the above deposition was recorded
16 stenographically by me and transcribed by
17 me.

18 I FURTHER CERTIFY that the
19 foregoing transcript of said deposition is
20 a true, correct, and complete transcript of
21 the testimony given by the said witness at
22 the time and place specified.

23 I FURTHER CERTIFY that I am not
24 a relative or employee or attorney or

1 employee of such attorney or counsel, or
2 financially interested directly or
3 indirectly in this action.

4 IN WITNESS WHEREOF, I have set
5 my hand.

6

7

8

9

10 Margaret Maggie Orton
11 Certified Shorthand Reporter
12 Certificate No. 84-004046

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

1 STATE OF ILLINOIS)
) SS:
 2 COUNTY OF MCHENRY)

3 IN THE CIRCUIT COURT OF THE TWENTY-SECOND
 4 JUDICIAL DISTRICT, MCHENRY COUNTY, ILLINOIS

5 PAUL DULBERG,)
)
 6 Plaintiff,)

7 -vs-) No. 12 LA 000178
)

8 DAVID GAGNON, Individually,)
 and as agent of CAROLINE)
 9 MCGUIRE and BILL MCGUIRE,)
 and CAROLINE MCGUIRE and)
 10 BILL MCGUIRE, Individually,)

11 Defendants.)
)

12 The discovery deposition of
 13 MARCUS G. TALERICO, M.D., taken under oath on
 14 October 16, 2013, at the hour of 1:00 p.m.,
 15 at Mid America Orthopaedics, 1419 Peterson
 16 Road, Libertyville, Illinois, pursuant to the
 17 Rules of the Supreme Court of Illinois and
 18 the Code of Civil Procedure, before Terri A.
 19 Clark, CSR License No. 084-001957, a notary
 20 public in and for the County of Lake and the
 21 State of Illinois.

22

23 APPEARANCES:

24

1 MR. ROBERT LUMBER, of the
2 Law Offices of Thomas Popovich
3 3416 West Elm Street
4 McHenry, Illinois 60050
5 (815) 344-3797
6 rlumber@sbcglobal.net

7 On behalf of the Plaintiff;

8 MR. PERRY A. ACCARDO, of the
9 Law Offices of Steven A. Lihosit
10 200 North LaSalle Street, Suite 2550
11 Chicago, Illinois 60601-1014
12 (312)558-9800 (312)558-9357 Fax
13 illinoislegal@allstate.com

14 On behalf of the Defendant,

15 David Gagnon;

16 MR. RONALD BARCH, of the Law Offices of
17 Cicero France Barch & Alexander, P.C.
18 6323 East Riverside Boulevard
19 Rockford, Illinois 61114
20 (815)226-7700
21 rb@cicerofrance.com

22 On behalf of the Defendants,
23 Caroline and Bill McGuire.

24 - - - - -

I N D E X

WITNESS:

MARCUS G. TALERICO, M.D.

EXAMINATION

PAGE

BY MR. ACCARDO

4-21

EXHIBITS

ID

Exhibit 1 (Curriculum Vitae)

5

1 (Deposition start time 01:11.)

2 (Whereupon, the witness was
3 administered an oath.)

4 MR. ACCARDO: Doctor, could you
5 please state your name and spell it for the
6 court reporter.

7 THE WITNESS: Marcus Talerico,
8 M-a-r-c-u-s, T-a-l-e-r-i-c-o.

9 MR. ACCARDO: Let the record reflect
10 this is the discovery deposition of
11 Dr. Marcus Talerico taken pursuant to
12 notice, taken in accordance with the
13 rules of the Circuit Court of McHenry
14 County, the rules of the Supreme Court of
15 the State of Illinois, and any other
16 applicable local court rules.

17 MARCUS G. TALERICO, M.D.,
18 having been first administered an oath, was
19 examined and testified further as follows:

20 EXAMINATION

21 BY MR. ACCARDO:

22 Q. Good afternoon, Doctor, my name is
23 Perry Accardo and I'm going to be asking you
24 some questions today about a former patient

1 of yours by the name of Paul Dulberg. Okay?

2 A. Yes.

3 Q. You have given depositions before;
4 is that correct?

5 A. Yes.

6 Q. You're familiar with the ground
7 rules governing depositions, generally?

8 A. Yes.

9 Q. Now, we have been tendered a copy
10 of your CV. I think we have marked it as
11 Exhibit No. 1 for identification. Is that
12 relatively current and up to date?

13 A. It is.

14 Q. What kind of doctor are you?

15 A. ~~Orthopedic surgeon.~~

Ortho

16 Q. And do you have a specialty within
17 that field?

18 A. ~~Hand and upper extremity surgery.~~

*Specialty in
hand & UE Sx*

19 Q. And you are currently affiliated
20 with MidAmerica Orthopaedics?

21 A. Yes.

MidAmerica Ortho

22 Q. And that's in Libertyville,
23 Illinois?

24 A. Yes.

1 Q. And how long have you been
2 affiliated with them?

3 A. A little bit over two years.

4 Q. You have your chart for Mr. Dulberg
5 today?

6 A. I do.

7 Q. Does that chart contain everything,
8 all the records in regards to Mr. Dulberg?

9 A. It contains the two office
10 encounters, but no other documents that may
11 be with this chart. I don't know that for
12 sure. For example, the EMG which is
13 referenced in here, I don't have that, but I
14 commented on it.

15 Q. The question was everything that
16 you have in front of you comprises the entire
17 chart?

18 A. Yes.

19 Q. Now, ~~you saw Mr. Dulberg twice,~~ is
20 that correct?

21 A. Yes.

22 Q. ~~And the first time was on December~~
23 ~~2nd of 2011,~~ is that correct?

24 A. Yes.

Qaw it 2x

① 12/2/11

1 Q. The second time was on January 6th
2 of 2012?

② 1/6/12

3 A. Yes.

4 Q. Have you or your office had any
5 contact whatsoever with Mr. Dulberg since
6 that time?

7 A. I believe not.

8 Q. I'm sorry, Doctor, it wasn't a
9 trick question before, but on one of the
10 records I did note, it looks like a
11 June 21st, 2012 telephone -- was it a
12 telephone call? It's on the second page of
13 the December 2nd, 2011 record.

14 A. Okay, I see that on the bottom.
15 That was a phone call, and apparently the
16 patient called. And VV is one of our
17 employees, a nurse in our office, Vernice.
18 And she must have taken this phone call where
19 he said that he detailed the injury
20 (apparently) I didn't take that phone call
21 and I didn't even know that until you pointed
22 it out.

23 Q. (It's more about him giving more
24 detail about how the actual incident took

1 place as opposed to describing any additional
2 problems with injuries or anything like that?

3 A. Correct. And I didn't see him at
4 that time, that was a phone call.

5 Q. Backing up. Safe to say then that
6 since June 21st of 2012 neither you nor your
7 office has had any contact with Mr. Dulberg?

8 A. Correct.

9 Q. I would also ask any opinions that
10 you give today, I would ask that they be
11 within a reasonable degree of medical and
12 orthopedic certainty. Fair enough?

13 A. Yes.

14 Q. Let's just go over the visits. The
15 first visit on December 2nd, 2011. Was

16 Mr. Dulberg referred to you?

17 A. He was, by Dr. Levin, a
18 neurologist.

Referred by
Dr. Levin
(neuro)

19 Q. Do you know Dr. Levin?

20 A. I don't.

21 Q. Do you know of her?

22 A. I have heard her name.

23 Q. And Mr. Dulberg gave you a history
24 when he came in to see you?

1 A. He did.

2 Q. And what was that history?

3 A. That he was using a chain saw and
4 was accidentally struck on the right forearm,
5 volar side.

6 Q. He indicates that he was seen in
7 the emergency room; is that correct?

8 A. Yes.

9 Q. Did you ever receive any records
10 from the emergency room?

11 A. No.

12 Q. And what were his complaints as far
13 as pain, discomfort?

14 A. Persistent pain that was radiating
15 from the laceration side in the forearm
16 region.

*no persistent
pain radiating
to from laceration
side*

17 Q. Where was it that the laceration
18 was, it was on the right forearm?

19 A. Right volarly, so palm side and mid
20 forearm level. And he also had intermittent
21 numbness and tingling.

*intermittent
numbness +
tingling
in
ring + small
finger*

22 Q. In any particular areas?

23 A. In the ring and small finger.

24 Q. What else did he indicate?

1 A. Grip weakness with loss of
2 endurance with wrist flexion and gripping.

*grip
weakness*

3 Q. Now, before he came to see you he
4 had seen Dr. Levin and had an EMG and nerve
5 conduction study performed; is that right?

6 A. Yes.

7 Q. And you did not have the report at
8 that time?

9 A. Correct.

10 Q. Did he indicate to you his work
11 status?

*not
working*

12 A. He was currently not working at
13 that time apparently, but was a trained
14 graphic designer.

15 Q. And he reported using a computer
16 mouse for 20 minutes causes him significant
17 forearm pain; is that right?

18 A. Correct.

19 Q. You performed an examination?

20 A. Yes.

21 Q. And what were the results of that
22 examination specific to his right arm or
23 hand?

24 A. Basically it was a normal exam

1 except for the fact he did have a well-healed
2 laceration in that area of the forearm where
3 the chain saw hit him.

4 He did also have some apparent
5 muscle incongruity, meaning some scarring at
6 the muscle belly level deep to the skin.

normal
exam
well-healed
laceration
muscle
scarring

7 Q. And just a little bit more
8 specifically about the exam. I know you said
9 that it was normal. It appears that there
10 was no tenderness to palpation of the
11 forearm?

no TTP of
forearm

12 A. Correct.

13 Q. And would that include the area
14 where the laceration and the scarring was?

15 A. Yes.

16 Q. As far as his strength, was that
17 tested?

18 A. It was.

19 Q. And what were the results of that?

20 A. He had intact strength. He had
21 normal wrist flexion and extension strength.
22 He had normal grip strength. He had normal
23 intrinsic strength, which are the muscles in
24 the hand.

normal
strength

1 Q. It's noted he had a negative
2 Froment's sign. What is that?

3 A. That is a sign that looks for
4 atrophy and weakness of the muscles in the
5 hand. The implication there is an ulnar
6 nerve injury.

7 Q. And a positive Wartenberg sign.
8 What is that?

Negative
Froment's
Sign
(Testing for
ulnar nerve
injury)

9 A. Wartenberg sign is where the small
10 finger deviates away from the right finger
11 when you ask them to bring in the small
12 finger against the ring finger. That again
13 has to do with ulnar nerve function. So a
14 positive sign is normally, it's attributed to
15 an imbalance from weakness of the intrinsic
16 of the hand.

Positive
Wartenberg
Sign
(also ulnar
nerve test)

17 Q. Would you consider that to be a
18 subjective or an objective finding?

19 A. It's an objective finding. It's
20 clinical significance, it's part of the big
21 picture. So just because that's a positive
22 sign doesn't necessarily mean anything
23 per se. In context with other findings is
24 where it's helpful.

Not necessarily
sig

1 Q. Were any tests run during your
2 examination regarding sensation? Because he
3 was complaining of this numbness and the
4 tingling.

5 A. I would test sensation by just
6 light touch.

7 Q. And would that have been normal as
8 well?

9 A. Yes.

10 Q. And what was your assessment then
11 following that initial visit and examination?

12 A. My assessment was that he had a
13 healed laceration in the forearm. I did not
14 appreciate any obvious nerve, tendon, or
15 artery injury. He had some scarring. And
16 that my recommendation was therapy to try to
17 improve his strength and his perceived
18 weakness and the pain he had at the injury
19 site.

20 Q. You also indicate under your plan
21 that his complaints are likely muscular in
22 origin?

23 A. Correct.

24 Q. And that he may have some

*Normal
Sensation*

*No
Obvious
Nerve, Tendon or
Artery injury
Recommend
PT*

*C/O's are
Muscular
in
Origin*

1 superficial sensory complaints?

2 A. Correct.

3 Q. What would be the cause of these
4 potential superficial sensory complaints
5 given his history and given the results of
6 your examination?

7 A. He could have in that area there
8 are some sensory nerves. One in particular
9 is the medial and the brachial cutaneous
10 nerve. He could have neuromas at that point
11 where they could be sort of scarred ends of
12 the nerve perhaps. That's all in the sort of
13 differential, but I guess at that time I
14 really didn't get the sense that that was
15 really at play.

16 Q. Is there any way to test for that?

17 A. Well, you can try to palpate the
18 area and try to find a specific focal area.
19 And if you had one area that is very
20 obviously the tender area, there is a Tinel's
21 sign where you tap there to see if that
22 recreates all the symptoms. Perhaps you
23 could explore that.

24 You could try with an EMG. I don't

*weaker;
burning
in forearm*

1 symptoms. He felt therapy did not help him.
2 He felt that he was getting weaker. And also
3 burning in his forearm.

4 Q. The burning in the forearm, is that
5 a new complaint or was that sort of go along
6 with the numbness and tingling?

7 A. I think that was all part of what
8 he was complaining of. I might not have used
9 that language in the first encounter, but
10 that's my recollection of the event.

11 Q. Were there any new and unique
12 complaints when he came to see you the second
13 time in January?

14 A. No, not according to the note and
15 what I recall.

16 Q. I know he indicated to you that he
17 didn't feel that occupational therapy was
18 helping, and we have established that he had
19 the two visits. Do you have the records or
20 the reports from the therapist?

21 A. I have not seen it, no.

22 Q. In the interim between your two
23 visits you were able to get a copy of the
24 EMG, the nerve conduction study?

*no new
C/O's*

1 A. Yes.

2 Q. What did you find when you reviewed
3 that?

4 A. It was a normal study.

*normal
EMG*

5 Q. And it looks like he also when he
6 came to see you in January he asked you about
7 some disability paperwork. Do you recall
8 that?

9 A. I don't specifically recall that
10 question, but I did note that in the report
11 that he did ask me about disability
12 paperwork, yes.

13 Q. What type of paperwork would it be
14 that he would have been asking for, if you
15 know?

16 A. I don't know, to be honest. It's
17 just the phrase I put in there.

18 Q. At that time did you feel he was
19 suffering from any type of disability?

20 A. No. I think that he had some
21 scarring in his forearm and he had a lot of
22 complaints, but I did not have any real
23 objective findings that I could come up with
24 a diagnosis, at least that I could treat.

*Not suffering
from any
disability*

1 Q. You did do another examination of
2 him in January?

3 A. Yes.

4 Q. And what were the results of that,
5 that examination in comparison to the earlier
6 examination?

7 A. Basically the same thing.

8 Q. So essentially negative?

9 A. Yes.

10 Q. And what was your assessment and
11 plan at that time?

12 A. My assessment was, again, he had
13 continued forearm pain and some scarring in
14 the muscle. My recommendation was continued
15 therapy. I really didn't have much else for
16 him.

17 Q. Do you know whether he sought out
18 any additional therapy?

19 A. No idea.

20 Q. During the two visits when he came
21 to see you did he ever make any complaints
22 regarding any pain or discomfort above the
23 area where the laceration was up into the
24 right elbow or anything like that?

Negative Exam again

Cont 07

1 A. No, I don't recall that.

2 Q. It was strictly confined to the
3 forearm and the area where the laceration
4 was?

5 A. Yeah, with sort of radiating -- it
6 doesn't say. I guess shooting, radiating
7 from the laceration site. I didn't say which
8 way, up or down, but radiating.

9 Q. And nothing in your examination or
10 your review of the EMG indicated anything
11 regarding any injury to the ulnar nerve; is
12 that a fair statement?

13 A. Correct.

14 Q. Are you talking mostly about then
15 if any nerves were involved it would have
16 been these more branch sensory type nerves?

17 A. Yes.

18 Q. Do you have an opinion as to what,
19 if any, injury Mr. Dulberg suffered as a
20 result of this incident with the chain saw?

21 A. My sense is he sustained a
22 laceration in the muscle belly of his
23 forearm. That did heal. And I did not
24 appreciate any objective weakness or real

*Radiating
Pain*

*No indication
of ulnar nerve
injury*

*if any injury,
more branch
sensory type
nerves*

*Op. He suffered
laceration to muscle
belly of his forearm*

1 abnormality other than his subjective
2 complaints of shooting, burning pain, and
3 feelings all in his forearm area.

4 Q. And again, none of which you could
5 correlate clinically with any certainty?

6 A. To me, I have seen a lot of
7 lacerations, and typically a laceration in
8 the muscle will heal. And I did not note any
9 obvious deficits.

10 So he could have pain there, that's
11 a subjective complaint, I have no way to
12 measure that. I don't know what to make out
13 of that when people tell me it's hurting. I
14 can only look for objective findings. And I
15 really didn't find any so that's really all I
16 could come up with for him.

17 Q. And just for clarification. What
18 is the muscle belly you referred to, what's
19 that?

20 A. The muscles of the flexor pronator
21 mass, so the wrist flexors. And there is a
22 forearm pronator, which is a deep muscle
23 coming off of the medial epicondyle of the
24 elbow, and they radiate across the forearm.

1 A chain saw going through
2 transversely in his forearm probably went
3 into the muscle. I think he described that
4 he had an open wound down to muscle.

5 Obviously, I didn't see the open
6 wound because I saw him six months after the
7 injury, going by his description. So those
8 are the wrist flexors primarily. And he had
9 perfectly normal functioning wrist flexors,
10 so the muscle healed.

11 MR. ACCARDO: I don't have any other
12 questions.

13 MR. LUMBER: I don't have any.

14 MR. BARCH: To be honest, I believe
15 you covered it.

16 MR. ACCARDO: Signature?

17 THE WITNESS: Waived.

18 (Deposition concluded at 01:31 PM.)
19
20
21
22
23
24

*Chain saw
probably went
thru muscle, wrist
flexors
b/c they functioned
normally
muscle
healed*

1 CERTIFICATE OF REPORTER
2
3
4

5 I, TERRI A. CLARK, Certified
6 Shorthand Reporter for the State of Illinois,
7 do hereby certify that the foregoing was
8 reported by stenographic and mechanical
9 means, which matter was held on the date, and
10 at the time and place set out on the title
11 page hereof, and that the foregoing
12 constitutes a true and accurate transcript of
13 same.

14 I further certify that I am not
15 related to any of the parties, nor am I an
16 employee of or related to any of the
17 attorneys representing the parties, and I
18 have no financial interest in the outcome of
19 this matter.
20
21
22

23 _____
TERRI A. CLARK, CSR

24 LICENSE NO. 084-001957